



IN THE UNITED STATES DISTRICT COURT  
FOR THE DISTRICT OF NEW MEXICO

- COURT REPORTING
- LEGAL VIDEOGRAPHY
- VIDEOCONFERENCING
- TRIAL PRESENTATION
- MOCK JURY SERVICES
- LEGAL TRANSCRIPTION
- COPYING AND SCANNING
- LANGUAGE INTERPRETERS

UNITED STATES OF AMERICA,

and

STATE OF NEW MEXICO ex rel.  
STATE ENGINEER,


Plaintiffs,

No. 01cv00072-MV/JHR  
ZUNI RIVER BASIN  
ADJUDICATION  
Subfile No. ZRB-1-0148

v.

A & R PRODUCTIONS, et al.,

Defendants.



NAEGELI  
DEPOSITION & TRIAL



(800) 528-3335

NAEGELIUSA.COM

REMOTE DEPOSITION BY VIDEOCONFERENCE

WALTER L. MEECH

TAKEN ON  
WEDNESDAY, FEBRUARY 3, 2021  
1:09 P.M.

201 THIRD STREET NORTHWEST, SUITE 1750  
ALBUQUERQUE, NEW MEXICO 87102

Exhibit 2 - Walter Meech Deposition Excerpts

|    |  |    |   |
|----|--|----|---|
| 22 | <p>1 Q. And where, generally speaking in Section<br/>2 4, is the third well located?<br/>3 A. It's close to where the 8B-1-SP34 is, the<br/>4 retention pond.<br/>5 Q. Okay. And how long has that well been<br/>6 there? When was that well drilled?<br/>7 A. Twenty-five years ago.<br/>8 Q. And is that well utilized in mining<br/>9 operations?<br/>10 A. It was -- it was used mostly to water<br/>11 trees. There was a tree farm down there.<br/>12 Q. Is that well currently operational?<br/>13 A. Yes.<br/>14 Q. Okay. Is the tree farm still there?<br/>15 A. Yes.<br/>16 Q. The other two wells, G-336 and G-337, are<br/>17 they utilized in mining operations?<br/>18 A. Yes.<br/>19 Q. Okay. Both of them are or just -- or --<br/>20 or one or the other?<br/>21 A. Well, both of them were. The -- I believe<br/>22 the G-336 hasn't been running for a while. We've<br/>23 had some issues with it.<br/>24 Q. Let's talk about 336. If I could direct<br/>25 your attention to what's been marked Exhibit 3 for</p> | 24 | <p>1 A. You -- you said 2003. This -- this was<br/>2 1988.<br/>3 Q. Right. No, the well was drilled in 1988;<br/>4 I understand that. But according to this document,<br/>5 you amended the -- the declaration of -- of right<br/>6 for this well in 2003. Does that sound familiar?<br/>7 A. Yes, sir.<br/>8 Q. Okay. And as I understand the document,<br/>9 which does note that water -- that the well was<br/>10 drilled in October of 1988, as you stated, the<br/>11 amendment was filed to -- to note that the well uses<br/>12 90 percent -- well use is 90 percent rather than<br/>13 60 percent, which was what had been, as I understand<br/>14 it, previously declared. Does that also sound<br/>15 familiar to you?<br/>16 And I'm -- for the record, I'm -- I'm --<br/>17 I'm referring to Paragraph 8 in that form, which is<br/>18 on page 3 of the material.<br/>19 A. Okay. Yes.<br/>20 Q. Okay. And the well itself had gone on<br/>21 line back in 1988. Is that correct?<br/>22 A. Yes.<br/>23 Q. Okay. Now, you mentioned that that well<br/>24 had some problems. What did you mean by that?<br/>25 A. We -- just -- we've had some issues with</p> |
| 23 | <p>1 identification.<br/>2 (WHEREUPON, Exhibit 3 was marked for<br/>3 identification.)<br/>4 BY MR. GOLLIS:<br/>5 Q. It is four-page document. And if you<br/>6 wouldn't mind to take the time, take a look at that,<br/>7 familiarize yourself with it and then we'll discuss<br/>8 that a little bit.<br/>9 A. Okay.<br/>10 Q. Do you recall when Well G-336 was -- was<br/>11 drilled?<br/>12 A. October 1988.<br/>13 Q. Okay. And you were employed by C &amp; E<br/>14 Concrete at that time?<br/>15 A. Yes.<br/>16 Q. Okay. And if you look at the last page of<br/>17 Exhibit 3, which contains an "Acknowledgment For<br/>18 Natural Persons," I take it you're the Walter L.<br/>19 Meech who acknowledged this document? Is that<br/>20 correct?<br/>21 A. Yes.<br/>22 Q. Okay. And does this -- does this document<br/>23 look to you like the declaration of owner of<br/>24 underground water right that you filed on behalf of<br/>25 C &amp; E Concrete for -- for Well G-336 back in 2003?</p>                         | 25 | <p>1 the -- with the well being able to pump -- with the<br/>2 water. There was some -- they've done some work on<br/>3 it and -- some of the driller did, there was some<br/>4 problems with it.<br/>5 Q. Okay. Is it currently being used?<br/>6 A. It's -- it's not producing water right<br/>7 now. No, sir.<br/>8 Q. Okay. Are you able to say when it stopped<br/>9 producing water?<br/>10 A. No.<br/>11 Q. Okay. Let me, if you wouldn't mind,<br/>12 direct your attention to what's been marked Exhibit<br/>13 4 for identification.<br/>14 (WHEREUPON, Exhibit 4 was marked for<br/>15 identification.)<br/>16 BY MR. GOLLIS:<br/>17 Q. This is another document from the New<br/>18 Mexico Office of the State Engineer, another<br/>19 Declaration of Owner of Underground Water Right.<br/>20 This one is for Well G-337. Will you go ahead and<br/>21 take a look at that for me if you wouldn't mind, and<br/>22 let me know when you're ready to proceed.<br/>23 MR. GOLLIS: Tanya, while Mr. Meech is<br/>24 taking a look at that document -- forgive me, did<br/>25 you -- did I see that you did receive the --</p>                                    |

26

1 perfect. Exhibit 5.  
 2 And Greg, did you receive that as well?  
 3 THE REPORTER: I do have it, yes. Thank  
 4 you.  
 5 MR. GOLLIS: Great. Thank you. And Ed?  
 6 Okay.  
 7 MR. BAGLEY: Sorry, I'm juggling here. I  
 8 -- I think so.  
 9 MR. GOLLIS: Okay. Great.  
 10 BY MR. GOLLIS:  
 11 Q. I'm sorry to interrupt. Mr. Meech, are  
 12 you ready to proceed?  
 13 A. Yes.  
 14 Q. Great. And do you recall -- if you look  
 15 at the last page of that four-page document, you'll  
 16 see it also contains an "Acknowledgment for Natural  
 17 Persons." And I take it that you, again, are the  
 18 Walter L. Meech identified on that page who signed  
 19 the declaration form. Is that correct?  
 20 A. Yes.  
 21 Q. And do you recall when Well G-337 was  
 22 drilled?  
 23 A. 1995.  
 24 Q. And again if you take a look at Paragraph  
 25 8 on that form which is on page 3, this, again, was

27

1 an amendment after -- after the drilling of the  
 2 well, and it indicates that the amendment was filed  
 3 because the -- the pumping capacity of the well  
 4 needed to be clarified as well as the intensity of  
 5 the use. The pumping capacity it states "60 gallons  
 6 per minute and the use is closer to 90 percent per  
 7 year rather than the 60 percent." Does that sound  
 8 familiar to you?  
 9 A. Yes.  
 10 Q. Okay. And I understand that Well G-337 is  
 11 still operational. Is that correct?  
 12 A. Yes.  
 13 Q. Okay. And so at this point for purposes  
 14 of operations at the Tinaja Pit Mine, for water  
 15 supply purposes, you rely on Well -- exclusively on  
 16 Well G-337.  
 17 A. Yes.  
 18 Q. Okay. Do you recall, Mr. Meech, what the  
 19 source of water supply was at the mine prior to the  
 20 drilling of those two Wells 336 and 337?  
 21 A. It had no water except for ponding.  
 22 Q. Okay. And I ask this question  
 23 understanding that 336 currently isn't pumping, but  
 24 do both wells have meters on them?  
 25 A. Yes.

28

1 Q. And can you recall for how long those  
 2 wells have been -- have been metered?  
 3 A. The meters were put on when the wells were  
 4 put into service.  
 5 Q. Okay. And -- and both wells have had  
 6 meters on them continuously since that time?  
 7 A. Yes.  
 8 Q. Okay. Does C & E Concrete report those  
 9 meter reads? Has over time, C & E Concrete reported  
 10 those meter readings?  
 11 A. They have, yes.  
 12 Q. Okay. And to whom do you report -- do  
 13 those meter readings get reported?  
 14 A. State Engineer.  
 15 Q. That's the New Mexico State Engineer's  
 16 Office?  
 17 A. Yes.  
 18 Q. Okay. Let me ask you, if you wouldn't  
 19 mind, to direct your attention to what's been marked  
 20 Exhibit 5 for identification, which is a -- a series  
 21 of four photographs of well meters.  
 22 (WHEREUPON, Exhibit 5 was marked for  
 23 identification.)  
 24 BY MR. GOLLIS:  
 25 Q. So if you'll take a look at those and have

29

1 them handy for reference, I'd like to chat about  
 2 those a little bit when you're ready.  
 3 And the order of those -- just for the  
 4 record -- and -- and -- and again these came from  
 5 your legal counsel, the first one is a -- a large  
 6 face. It's -- it's a "Model 55 Recordall" was the  
 7 first page of the exhibit. The second one is also a  
 8 Recordall. It's a smaller -- it's a smaller face in  
 9 the photograph. It's a "Model 170 Recordall."  
 10 MR. GOLLIS: And also, for the record,  
 11 I'll just note that the footer at the bottom of each  
 12 of these photographs identifies them as an "Exhibit  
 13 O - Photos of Meters on Wells" and that description  
 14 was provided by counsel of record for C & E  
 15 Concrete.  
 16 BY MR. GOLLIS:  
 17 Q. The third one in the series is a -- a  
 18 meter. The brand appears to be "CARLON" C-a-r-l-o-  
 19 n. And then lastly, the fourth one is a meter that  
 20 on the bottom, just above the number "50,"  
 21 identifies, I think, the Brand as "HAYS," H-a-y-s,  
 22 with an "05" above it."  
 23 So when you've had a chance to just take a  
 24 look at those, let me know and then we can proceed.  
 25 A. Okay.

|   |   |
|---|---|
| <p style="text-align: right;">38</p> <p>1 Q. Okay. And do you recall specific<br/>                 2 instances of that happening in the past?<br/>                 3 A. Yes.<br/>                 4 Q. Are you able to recall when approximately<br/>                 5 that might have happened?<br/>                 6 A. No.<br/>                 7 Q. Would instances of malfunctions be<br/>                 8 something that are recorded, that there might be<br/>                 9 records of such occurrences?<br/>                 10 A. No.<br/>                 11 Q. You don't recall? Or there wouldn't be<br/>                 12 records?<br/>                 13 A. There wouldn't be a record.<br/>                 14 Q. Okay. If I could direct your attention to<br/>                 15 what's been marked Exhibit 6 for identification.<br/>                 16 (WHEREUPON, Exhibit 6 was marked for<br/>                 17 identification.)<br/>                 18 BY MR. GOLLIS:<br/>                 19 Q. This is a document that was prepared, I<br/>                 20 believe by your company, that shows meter readings<br/>                 21 for Well G-336 by year. And if you go ahead and<br/>                 22 it's -- it's -- it's fairly lengthy. I think<br/>                 23 there's 15 or 16 pages. If you'll just take a look<br/>                 24 at that and familiarize yourself with it, and then<br/>                 25 we can talk about that a little bit when you're</p>                 | <p style="text-align: right;">40</p> <p>1 indicates for the most part that the well was out of<br/>                 2 service. Does that sound right to you that that<br/>                 3 well G-336 has probably been unable to pump going<br/>                 4 back as far as the end of 2012, the beginning of<br/>                 5 2013?<br/>                 6 A. Yes.<br/>                 7 Q. Okay. Are there any plans on your part,<br/>                 8 on C &amp; E's part to rehabilitate that well?<br/>                 9 A. Depending on our water needs, we may have<br/>                 10 to. So, yes.<br/>                 11 Q. And your present -- Well G-337 is<br/>                 12 sufficient to serve your present water needs?<br/>                 13 A. Yes and no. It -- it -- it does depending<br/>                 14 if -- on the weather conditions. Sometimes we still<br/>                 15 run short.<br/>                 16 Q. In the past, I guess I'd say pre-2013,<br/>                 17 when Well G-336 was still pumping, did you -- how<br/>                 18 did you use the two wells? Did you -- let me stop<br/>                 19 there.<br/>                 20 How did you use the two wells? And I can<br/>                 21 elaborate if you need me to.<br/>                 22 A. The 337 was for dust abatement and water<br/>                 23 control, washing aggregates, adding the -- you know,<br/>                 24 water to aggregates, all those things. 336 was, you<br/>                 25 know, we -- we would put it where we needed it,</p>  |
| <p style="text-align: right;">39</p> <p>1 ready.<br/>                 2 A. Okay.<br/>                 3 Q. Okay. You're all set?<br/>                 4 A. Yes.<br/>                 5 Q. Okay.<br/>                 6 So on the first page of this document, it<br/>                 7 shows meter -- it shows the -- the date of a meter<br/>                 8 reading and those dates are the -- the first day of<br/>                 9 each month in the calendar. And in the case of<br/>                 10 2016, which is shown on the first page, it says the<br/>                 11 meter reading for each of those occurrences were<br/>                 12 "out of service."<br/>                 13 To your knowledge what does that mean "out<br/>                 14 of service" noted there on that document Exhibit 6?<br/>                 15 A. There was no water pumped out of that<br/>                 16 well.<br/>                 17 Q. Okay. And so consistent with what you<br/>                 18 told us previously, Well G-336 at some point in the<br/>                 19 past stopped pumping and obviously it had stopped<br/>                 20 pumping by 2016. Is that right?<br/>                 21 A. Yes.<br/>                 22 Q. Okay. And if you flip those pages and<br/>                 23 take a look at -- the same is true for 2015, that is<br/>                 24 to say the meter readings note that it's "out of<br/>                 25 service"; 2014, the same; and, 2013, similarly</p> | <p style="text-align: right;">41</p> <p>1 whether it was for -- for -- for the -- for the<br/>                 2 office, for the bathroom or for, you know, if we<br/>                 3 needed to, we would put it in the tank for -- for<br/>                 4 dust abatement and water control.<br/>                 5 Q. Consistent -- consistent with their<br/>                 6 pumping capacity as though -- it sounds like 336 was<br/>                 7 largely kind of supplemental to 337. Is that fair?<br/>                 8 A. Yes.<br/>                 9 Q. How do you -- if -- if G-337 -- if you go<br/>                 10 through periods of time where G-337 is insufficient<br/>                 11 to meet C -- C &amp; E's needs, do you somehow<br/>                 12 supplement water from another source?<br/>                 13 A. We do. We bring it up from Milan our --<br/>                 14 our concrete plant and haul it up to the plant.<br/>                 15 Q. Okay. And is that something currently<br/>                 16 that -- that occurs?<br/>                 17 A. It has.<br/>                 18 Q. Okay. And how far back into the past has<br/>                 19 that been something that you've done?<br/>                 20 A. I -- I don't know.<br/>                 21 Q. If I could direct your attention, Mr.<br/>                 22 Meech -- and I apologize that the pages of this<br/>                 23 exhibit aren't numbered, but if you turn to the very<br/>                 24 last page which shows the meter readings for 2001<br/>                 25 and 2002, and you flip forward the next page is</p> |

|    |   |    |
|----|---|----|
| 50 | <p>1 and then it flipped over and started over again.</p> <p>2 Q. Okay.</p> <p>3 A. Not re-set, but it actually, I guess it</p> <p>4 maxed out on its meter and it started over again.</p> <p>5 Q. Okay. And would it have been C &amp; E policy</p> <p>6 at that time to note that in the -- in the meter</p> <p>7 reading logs that there was a turnover?</p> <p>8 A. I'm not sure.</p> <p>9 Q. Okay. And if I could direct your</p> <p>10 attention actually to -- it's the very first page of</p> <p>11 the exhibit. It's the meter readings for calendar</p> <p>12 year 2016.</p> <p>13 Are you ready?</p> <p>14 A. Yes.</p> <p>15 Q. Okay. If you'll look at the -- the meter</p> <p>16 reading for the month of April, "April 1, 2016," the</p> <p>17 reading is in the tens of thousands, it's "60700."</p> <p>18 The subsequent month, the month of May, "May 1,</p> <p>19 2016," the reading is only in the hundreds, it's</p> <p>20 "740."</p> <p>21 Are you able -- and we discussed this</p> <p>22 previously in the case of Well G-336. Are you able</p> <p>23 to say why there would have been a change in the</p> <p>24 order of magnitude of the reading there, the</p> <p>25 numbers?</p> | 52 |
| 51 | <p>1 BY MR. GOLLIS:</p> <p>2 Q. And if you take a look at those for a</p> <p>3 moment and let me know when you're ready, I'd like</p> <p>4 to ask you a few questions about well repairs.</p> <p>5 A. Okay. Go ahead.</p> <p>6 Q. All right. This one is easy. We'll start</p> <p>7 right at the beginning.</p> <p>8 If you take a look at that first invoice</p> <p>9 that's dated "August 6th, 2014," and it describes</p> <p>10 repairs, labor, service, equipment that was -- was</p> <p>11 used, are you able to say, Mr. Meech, which well</p> <p>12 that work relates to?</p> <p>13 A. G-337.</p> <p>14 Q. Okay. And do you recall what the purpose</p> <p>15 of that work was?</p> <p>16 A. Reading from this invoice, they replaced</p> <p>17 the pump.</p> <p>18 Q. Okay.</p> <p>19 A. It looks like the pump and motor.</p> <p>20 Q. Okay. If you flip to the -- the next</p> <p>21 page, the second page, the invoice there is an</p> <p>22 invoice from Coyote Drilling, Inc., to you and C &amp;</p> <p>23 E, that's dated April 19th, 2016.</p> <p>24 If you take a look at the description of</p> <p>25 the work there and I'll ask you if you recall which</p>                             | 53 |



54

1 of the wells this work related to?  
 2 A. G-337.  
 3 Q. Okay. G-337 also. And do you recall --  
 4 this would have been about a year and a half later  
 5 after the first repair -- what the -- what the  
 6 purpose or the reason for this repair was?  
 7 A. Looks like they pulled the pump and motor  
 8 and replaced it.  
 9 Q. Okay. So that would have been a second  
 10 motor replacement just a year and a half after the  
 11 previous one.  
 12 A. Yeah. Mining's tough on motors out there.  
 13 Q. I'll bet it -- I'll bet it is.  
 14 If you turn to the third page now, which  
 15 is another invoice from Coyote Drilling, this one is  
 16 dated September 7, 2016. So almost a half a year  
 17 later, and it refers only to a pump test that was  
 18 done on one of the wells. Do you recall which well  
 19 that would have been?  
 20 A. It would have been G-337.  
 21 Q. Okay. And do you recall, by any chance,  
 22 what the -- what the need for the pump test was at  
 23 that point in time back in September 2016?  
 24 A. I think our -- our volume of water was  
 25 getting -- it was dropping, and so they were -- you

55

1 know, I'm assuming we were trying to test to see if  
 2 it was related to the pump and motor.  
 3 Q. Okay. Back in 2003, when you submitted  
 4 the Amended Declaration of Water Right to the New  
 5 Mexico Office of State Engineer, you had -- you  
 6 indicated on that declaration -- and I'm referring  
 7 to Exhibit 4 -- that the pumping capacity of that  
 8 well was "60 gallons per minute." And I'll give you  
 9 a chance to turn to that Declaration Form for Well  
 10 G-337.  
 11 A. Yes, sir.  
 12 Q. And it does say -- if you'll look at the  
 13 second page, which is page 1 of the form you filled  
 14 out, it does say that the -- under "3. Description  
 15 of Well" that the original capacity was 60 gallons  
 16 per minute, and the present capacity in 2003 was  
 17 also 60 gallons per minute. Do you see that?  
 18 A. Yes.  
 19 Q. Do you have any idea at present what the  
 20 pumping capacity of that Well G-337 is?  
 21 A. We're closer to probably 65.  
 22 Q. Okay.  
 23 A. We -- we have it chocked down to about 48,  
 24 but we're -- it's probably closer to about 65  
 25 gallons a minute.

56

1 Q. Okay. And is it also fair to say that --  
 2 that that well operates still at -- at about 90  
 3 percent of the time as you had indicated in that  
 4 Amended Well Declaration back in '03?  
 5 A. Yes. Well, you know, if we can get 100  
 6 percent, we'd go for 100 percent; but, you know --  
 7 Q. Whatever you can get out of it in other  
 8 words.  
 9 A. Yeah, whatever we can get out of the well.  
 10 Q. Okay. Are you able to estimate at present  
 11 how much water you all were importing from your  
 12 Milan facility to make up for the inability of 337  
 13 to keep up with the needs at the mining facility?  
 14 A. The water that we were hauling from --  
 15 from town was not enough to keep up. We were just  
 16 trying to maintain, but we were still below  
 17 standards as far as what we needed to be able to --  
 18 to -- to be able to fully operate. We were running  
 19 -- we could only run -- we only had one tank and we  
 20 were running about six to seven loads a day, I think  
 21 is what it was. And it's a 6,000 gallon tank.  
 22 Q. And when you say "six or seven loads a  
 23 day," are you talking about water truck loads?  
 24 A. Yes, sir.  
 25 Q. And -- and what's the size of the -- of

57

1 the water trucks you all were using?  
 2 A. 6,000 gallons.  
 3 Q. And what's the source of the water at your  
 4 Milan facility?  
 5 A. The Village of Milan.  
 6 Q. You're part of the public drinking water  
 7 system down there, whatever the case may be?  
 8 A. Yes, sir.  
 9 Q. Okay. Back to -- if we can go back to  
 10 Exhibit 8, Mr. Meech, which is the invoices, the  
 11 well repair invoices, if I could --  
 12 A. Yes.  
 13 Q. -- ask you to turn to the -- the fourth  
 14 page, which is an invoice from L and C Inc., to C &  
 15 E dated April 10, 2017.  
 16 A. Yes, sir.  
 17 Q. And that one, as you can see, it looks  
 18 like there was another pump pulled from the well and  
 19 they took a video of the well. Do you recall which  
 20 well that work was completed on?  
 21 A. G-337.  
 22 Q. Okay. Is it fair to say at this point, in  
 23 2016-2017, there was no work ongoing on -- on Well  
 24 G-336?  
 25 A. Correct.

58

1 Q. It was sitting idle at the time. It  
 2 wasn't pumping; correct?  
 3 A. Yes.  
 4 Q. Okay. And do you recall in the case of  
 5 this fourth invoice, what -- what was the need that  
 6 arose that you needed them to pull that pump again  
 7 and take a look at that well?  
 8 A. We -- we needed to look down. We -- we  
 9 were having problems with the well, and we needed to  
 10 look down it and see what the -- what was going on  
 11 inside of it and see what solutions that the well  
 12 companies suggested for repairs on it.  
 13 Q. And when you say you were having problems  
 14 with the well, is that the -- the pumping capacity  
 15 of 60, 65 gallons per minute max, just you weren't  
 16 hitting anywhere close to that? Is that the -- what  
 17 was happening?  
 18 A. Correct. Yes.  
 19 Q. And if you recall, when you had the --  
 20 when you had those problems back in 2016-2017, what  
 21 was the -- the pumping capacity of -- of the well  
 22 going down to that -- that -- that raised the  
 23 concerns?  
 24 A. I -- I'm not sure.  
 25 Q. But it must have been something noticeable

59

1 obviously --  
 2 A. Yes, sir.  
 3 Q. -- operational.  
 4 A. Yes.  
 5 Q. The next invoice on the fifth -- the fifth  
 6 page, which is another invoice from Coyote Drilling  
 7 to C & E, this is dated a couple of months later,  
 8 June 7, 2017. This was quite a bit of work. Can  
 9 you -- I -- I guess, first, can we confirm that this  
 10 was work again completed on Well G-337?  
 11 A. Yes.  
 12 Q. Okay. And do you recall what the nature  
 13 of this work was?  
 14 A. It was to go in and clean this out and re-  
 15 case it. If I remember right, when LC Jones first  
 16 did the well, he only cased it down so far and we  
 17 didn't have casing on the lower part of the well.  
 18 So we had to have them go in and re-drill this and  
 19 then case that. And there was still enough room to  
 20 be able to get a big -- the bigger motor in there to  
 21 do it, but it -- what had happened was it started  
 22 to collapse on that lower part.  
 23 Johnny Jones or LC Jones, he didn't think  
 24 that -- he thought because it was in the sandstone  
 25 and limestone, it didn't need to be cased, but it

60

1 proved to be not a -- a smart thing on our part to  
 2 let him do that.  
 3 Q. And so the purpose of this repair was to -  
 4 - was to essentially fix that problem.  
 5 A. Yes, sir.  
 6 Q. Okay. And were you successful in that?  
 7 A. Yes.  
 8 Q. Okay. And on the back of that invoice on  
 9 the next page, there's another invoice from Coyote  
 10 Drilling dated just a -- a couple of days -- a  
 11 couple of weeks later, June 20th, 2017. Again, I  
 12 imagine it's probably fair to say this was work that  
 13 was completed on -- on Well G-337?  
 14 A. Yes.  
 15 Q. Okay. And is this showing essentially  
 16 completion of the work that had begun as shown on  
 17 the previous invoice?  
 18 A. Yes.  
 19 Q. Okay. And I do see here that one of the  
 20 entries dated June 16th, 2017, indicates that a  
 21 meter was installed on that well?  
 22 A. Yes.  
 23 Q. Okay. And that would have been at that  
 24 time, I would imagine a -- a new meter, or would  
 25 they have replaced a meter that had previously been

61

1 on the well?  
 2 A. You know, I would have to say they  
 3 replaced the one that was on there because there's  
 4 no -- they don't have the cost of putting the new  
 5 meter on there.  
 6 Q. Okay.  
 7 A. So I --  
 8 Q. And so I -- I --  
 9 A. -- I mean, I'm -- I'm just looking at the  
 10 invoice. That's all I --  
 11 Q. No, no, no, I understand. So -- so you --  
 12 you're guessing, and I -- I -- I -- I understand  
 13 that, but you're guessing that -- that they -- they  
 14 re-installed the meter that had been on the well  
 15 before they performed that work.  
 16 A. Yes.  
 17 Q. Okay. Is that something that Ed Moreland  
 18 might know? Or who at C & E might know for sure  
 19 that that, in fact, was the case?  
 20 A. The closest person would be Ed.  
 21 Q. Okay. Let's take a look if we -- if -- if  
 22 we would at the -- at the next invoice, which is  
 23 again another -- another invoice from Coyote. I  
 24 actually think this is an invoice that -- I think  
 25 this is just a balance. This is not a new -- this

62

1 isn't new work. This -- this invoice -- well, let  
 2 me ask you.  
 3 Is the invoice from Coyote Drilling dated  
 4 July 1, 2017, again, work that was performed on Well  
 5 G-337?  
 6 A. It's -- it's the same invoice as the one  
 7 which was Invoice 5513 -- is the same one that's  
 8 7/1/2017.  
 9 Q. Got it. Okay. So it's just a different  
 10 invoice for the -- for the exact same work.  
 11 A. Yes.  
 12 Q. Okay. And if we can turn to the next one,  
 13 again, from Coyote Drilling, an invoice to C & E  
 14 dated January 7, 2019, if you take a look at  
 15 that, are you able to confirm that this, again, was  
 16 work performed on Well G-337?  
 17 A. Yes.  
 18 Q. And are you able to recall what the nature  
 19 of this work was?  
 20 A. After we did the fixing of the well, then  
 21 we had the -- it changed the integrity of the water  
 22 a little bit, and we were having an issue with the  
 23 water actually causing a green moss or buildup. I  
 24 don't know what they're called. I -- I don't know  
 25 the -- the name of it, but we had to change the pipe

63

1 out and go to stainless steel.  
 2 So on the second item that says "Quantity  
 3 8," that "SS" is stainless steel pipe. And so we  
 4 had to -- we had to put stainless steel in there to  
 5 stop the buildup of the black whatever was building  
 6 up on the -- on the cast -- cast iron -- or not cast  
 7 iron, but the galvanized pipe that was in there  
 8 before.  
 9 Q. Okay. So if I understand this invoice  
 10 correctly, they actually had to go back in and  
 11 replace 11 -- 1200 feet of pipe.  
 12 A. Yes.  
 13 Q. Okay.  
 14 A. The -- the -- the pump was okay. The  
 15 pipe, we had to replace.  
 16 Q. And is that the pipe that's currently in  
 17 that well, the stainless steel pipe?  
 18 A. Yes.  
 19 Q. Okay. Okay. And then just the last  
 20 invoice if I can direct your attention to that one,  
 21 it's from Coyote Drilling dated February 1, 2019.  
 22 If I'm reading this correctly, this is just the --  
 23 the -- just another invoice for that same work that  
 24 we were just discussing.  
 25 A. Yes, sir.

64

1 Q. Okay. And to your knowledge has there  
 2 been any work subsequently since January of 2019, on  
 3 Well G-337?  
 4 A. The only work that we've had outside of  
 5 that is just getting the phase converters dialed in  
 6 for the pump -- is converting single phase to three  
 7 phase. So that would just be the -- the -- Carl  
 8 Hoffman, the electrician, doing the work on that  
 9 side of it.  
 10 Q. And that's -- it -- that's just, if I  
 11 understand you correctly, that's just electrical  
 12 work, so to speak.  
 13 A. Yes.  
 14 Q. Okay. Have there been any repairs or any  
 15 work done to try to reverse the problems with Well  
 16 G-336 in the last five, six, seven years?  
 17 A. No.  
 18 Q. Okay. Are there any current plans to have  
 19 any such work performed?  
 20 A. There are plans. As you can see, we kind  
 21 of put all our money into G-337.  
 22 Q. Okay.  
 23 We are -- I'm -- I'm just about done. I  
 24 think I have a few more questions for you.  
 25 I want to talk a little bit about kind of

65

1 operations at the Tinaja Pit Mine facility and the  
 2 use of water in those operations.  
 3 So as I understand it, one -- one  
 4 operational kind of function that uses water is dust  
 5 control on the roads up there? Is that correct?  
 6 A. Yes.  
 7 Q. Okay. And would you describe for us how  
 8 that's -- how that's done?  
 9 A. We have water trucks that fill up at a --  
 10 at a tower and then they take the water and then  
 11 they spread it on the roads that we travel for the -  
 12 - the trucks that bring -- that come to get loaded,  
 13 and then also they are (audiovisual disruption)  
 14 stuff in the pit to keep the dust down in the pit  
 15 for where we have to go pick up the rock to take it  
 16 to the universal impact crusher, the primary  
 17 crusher.  
 18 Q. And -- and how many water trucks do -- do  
 19 -- do you all have operating out at C & E?  
 20 A. I -- I -- could you make that a little  
 21 clearer in reference to the question?  
 22 Q. Sure. So you -- you use water trucks to  
 23 apply -- you use trucks to apply water for dust  
 24 control purposes to the roads within the mine  
 25 facility.



74

1 Q. So -- so with that clarification in mind,  
 2 is it fair to say that the -- the single pond that  
 3 has two labels SP-69A, which is the portion of that  
 4 pond in Section 33, and SP-69B, which is that  
 5 portion of the same pond in Section 4, that that  
 6 pond in total is utilized in the sand plant process?  
 7 A. Yes.  
 8 Q. And in addition, the pond just to the  
 9 north of it, 8B-1-SP68, is also utilized in the  
 10 process.  
 11 A. Yes.  
 12 Q. And those are the two ponds to which you  
 13 initially referred.  
 14 A. Yes.  
 15 Q. Okay. Let's start with -- and I'll just  
 16 refer to -- to it as "SP-69." We can lose the "A"  
 17 and the "B" because it -- we -- we now know it's the  
 18 same pond.  
 19 What's the source of the water in that  
 20 pond?  
 21 A. G-337.  
 22 Q. Okay. And what is the source of the water  
 23 in Pond SP68?  
 24 A. G-337.  
 25 Q. Okay. How -- how does C & E fill those

75

1 ponds? Is it -- well, let me -- let me stop there  
 2 and let you answer that first.  
 3 A. We -- we have two -- I don't know, it's  
 4 like 36,000 gallon tanks at the bottom down there  
 5 were the G-337 is, and we pump water into it, and  
 6 then we pump it up the hill to -- there's five or  
 7 six tanks up the hill that we store it in there, and  
 8 then it -- and then we run it off into those ponds.  
 9 Q. And when you say "up the hill" from the  
 10 location of G-337, do you mean -- do you mean in  
 11 kind of a northerly -- a northeasterly direction  
 12 towards the ponds? Or where are the -- where is  
 13 that series of tanks located?  
 14 A. I -- I would say northeasterly direction  
 15 that we have piping that runs from the -- where that  
 16 well is and those storage tanks all the way up to  
 17 where the storage tanks are up there where the wash  
 18 plant is.  
 19 Q. Okay.  
 20 A. And -- and it's going uphill.  
 21 Q. That's -- that's uphill all the way.  
 22 A. Yes.  
 23 Q. Okay. And from that series of tanks, you  
 24 fill the two ponds.  
 25 A. Yes.

76

1 Q. Is that -- would you say -- do you fill  
 2 those ponds -- is it a continuous flow of water?  
 3 occasional flow? How would you describe that?  
 4 A. It's pretty continuous. So you have to  
 5 keep water going in there all the time because of  
 6 evaporation and -- and -- and the absorption of the  
 7 -- of the rock absorbing the water into -- into its  
 8 -- into the aggregate.  
 9 Q. That's all limestone underlying those  
 10 ponds?  
 11 A. No. Sandstone.  
 12 Q. Sandstone. But it's pretty porous in --  
 13 in -- in any regard?  
 14 A. Yes.  
 15 Q. Okay. Is there a particular kind of  
 16 schedule or is it, as you said, just typically more  
 17 often than not it's a continuous fill going on then?  
 18 A. More often than not continuous.  
 19 Q. Okay. Is -- and -- and -- and could you  
 20 describe for me -- so what's the interplay  
 21 operationally in the -- using those ponds for the  
 22 production of sand?  
 23 A. I guess I'm not clear on what you mean by  
 24 "interplay."  
 25 Q. I guess I'm -- I'm trying to picture in my

77

1 mind how you're producing the final product using  
 2 those ponds.  
 3 A. The --  
 4 Q. What --  
 5 A. Okay. All right. Let me --  
 6 Q. Does that make sense? Or --  
 7 A. I -- I think -- we -- the ponds are an  
 8 upper and lower pond, and they have what we call  
 9 "weirs" on them.  
 10 Q. Right.  
 11 A. An as we wash the material, as the  
 12 material goes in -- let me explain the process. The  
 13 material is dumped into the bin. It goes across a  
 14 set of screens and there are spray bars that are  
 15 spraying the -- the material to wash it. And then  
 16 it goes to the sand screw that carries it up and the  
 17 -- and the finer, the lighter material goes off  
 18 across the weir and then it's picked up in a pump  
 19 and it's pumped to the upper pond. And that's where  
 20 all the solids are dumped.  
 21 They have a polymer that goes in with this  
 22 material and it drops the solids out and then the  
 23 clean water comes across, it comes over the weir.  
 24 And then as it starts to fill up, they put another  
 25 board in there to raise the weir so that the solids